

IN THE UNITED STATES DISTRICT  
FOR THE DISTRICT OF NEW MEXICO



- COURT REPORTING
- LEGAL VIDEOGRAPHY
- VIDEOCONFERENCING
- TRIAL PRESENTATION
- MOCK JURY SERVICES
- LEGAL TRANSCRIPTION
- COPYING AND SCANNING
- LANGUAGE INTERPRETERS

UNITED STATES OF AMERICA,

and

STATE OF NEW MEXICO ex rel. STATE  
ENGINEER,


Plaintiffs,

v.

No. 01cv0072-MV/JHR  
ZUNI RIVER BASIN  
ADJUDICATION  
Subfile No. ZRB-1-0148

A & R PRODUCTIONS, et. al.,

Defendants.



NAEGELI  
DEPOSITION & TRIAL



(800) 528-3335

NAEGELIUSA.COM

REMOTE DEPOSITION BY VIDEOCONFERENCE OF

ALAN KUHN, PHD

TAKEN ON  
THURSDAY, FEBRUARY 11, 2021  
10:10 A.M.

13212 MANITOBA DRIVE NORTHEAST  
ALBUQUERQUE, NEW MEXICO 87111

42

1 A. It's evidently a difference in multipliers used.  
 2 Q. And -- and what do you mean by a difference in  
 3 multipliers?  
 4 A. Well, from -- well, through June, a multiplier  
 5 used had one more digit than the multiplier used for the  
 6 remainder of the year and into January of 2002.  
 7 Q. And would it be the individual reading the meter  
 8 itself who would be responsible for that difference?  
 9 A. I think so, yes.  
 10 Q. And why would that individual or individuals be  
 11 compelled, I guess I'm wondering, essentially to read the  
 12 meter in two different ways during that time period?  
 13 A. Well, I don't know that they were compelled in  
 14 any way. I just think that there was a lapse in  
 15 continuity, in who was reading or how they were recording,  
 16 and they probably did not either have or follow the same  
 17 protocol for each reading.  
 18 Q. Take a look if you would for me at Exhibit 7  
 19 again, which is the photograph of the G-336 well meter.  
 20 A. All right.  
 21 Q. Yeah. And you see on that photograph that the  
 22 time it was taken, there's a reading on that meter. And  
 23 it shows 0945540. You see that?  
 24 A. Yes.  
 25 Q. And then there's also on the -- on the face of

43

1 that meter, a red arrow, and the face contains numbers  
 2 beginning with zero and going to the number nine. You  
 3 also see that?  
 4 A. Yes.  
 5 Q. Okay. How would you read that photograph of  
 6 that meter?  
 7 A. Well, I would be a bit confused, frankly.  
 8 Because the -- the dial -- the dial points to something on  
 9 the order of 6.5 whatever that multiplier is beyond that.  
 10 While the -- the display, the digital display reads  
 11 something else.  
 12 Q. Okay. Well, let -- let's start with the digital  
 13 display. Putting aside the red dial on the face of the  
 14 meter, the display itself shows 0945540.  
 15 And again, you know, you hit the nail on the  
 16 head because the essence of this case is it comes down to  
 17 meter reading.  
 18 So that -- that's the reason for my questioning.  
 19 How would you read that digital display on that particular  
 20 meter as shown in the photograph?  
 21 A. Well, before I would attempt to do it, I would  
 22 have to read the -- the manual on that meter.  
 23 Q. Okay.  
 24 A. Would it be appropriate right now to take a  
 25 break, please?

44

1 MR. GOLLIS: Yeah, that'd be fine. Why don't we  
 2 go off the record and take a -- would you like five or ten  
 3 minutes, Dr. Kuhn?  
 4 THE DEPONENT: I don't need -- I -- I don't need  
 5 ten.  
 6 MR. GOLLIS: Let's go five -- five minutes,  
 7 then. That'd be great.  
 8 THE DEPONENT: All right. Thank you.  
 9 THE REPORTER: The time is 11:22 a.m. and we are  
 10 off the record.  
 11 (WHEREUPON, a recess was taken.)  
 12 THE REPORTER: The time is 11:28 and we are back  
 13 on the record.  
 14 BY MR. GOLLIS:  
 15 Q. Dr. Kuhn, you indicated that you wouldn't want  
 16 to take a stab at reading that meter depicted in Exhibit 7  
 17 without first reading the manual for that meter; is that  
 18 right?  
 19 A. That's correct.  
 20 Q. Are you able to take a stab at reading that  
 21 meter, the digital portion of that meter? Understanding,  
 22 of course, that we don't have the -- the manual available.  
 23 A. Well, I don't see that -- how I could. I mean,  
 24 I -- I don't think anybody who was looking at this without  
 25 having read the manual is going to be able to really give

45

1 you a very accurate or confident response.  
 2 Q. So in terms of looking at that digital portion  
 3 of that meter, I mean, is it fair to -- is it fair to say  
 4 that it appears to depict the number 945,540?  
 5 A. I can't say because I don't know where the --  
 6 the digit would be. The -- the period, so to speak. To  
 7 give you ideas what -- what is the fraction and what is  
 8 the whole number.  
 9 Q. Meaning if I understand you correctly that  
 10 there's the chance that there's a decimal point somewhere  
 11 in that number, and we don't --  
 12 A. Right.  
 13 Q. -- know where it goes?  
 14 A. Right.  
 15 Q. Okay. Based on if we look back at Deposition  
 16 Exhibit 2, which is the 16-page document showing meter  
 17 readings for the Well G-336, from '01 through 2016. And  
 18 we were looking -- we were looking at Page 16 of that  
 19 document, showing meter readings for 2001.  
 20 That first meter reading, do you have that in  
 21 front of you?  
 22 A. You're talking about Exhibit 2?  
 23 Q. Yeah, which is the -- the meter readings for  
 24 Well G-336.  
 25 A. Yes, I --

46

1 Q. And we were looking at the last page of that  
 2 document which is Page 16.  
 3 A. Yes, I have it.  
 4 Q. Okay. And if you look at the meter reading for  
 5 January 1, 2001, the very first meter reading.  
 6 A. Yes.  
 7 Q. It shows 104680?  
 8 A. Yes.  
 9 Q. Would you agree with me that that appears to  
 10 depict the number 104,680?  
 11 A. Yes.  
 12 Q. Okay. And there's no intention as far as you  
 13 can tell to impugn a decimal point into that number by  
 14 whoever recorded this meter reading?  
 15 A. I wouldn't have any basis to do so.  
 16 Q. Okay. If you would take a look at your report?  
 17 My copy has it on Page 5. I'm interested in taking a look  
 18 at Table 1. I'm not sure what page -- if that's the page  
 19 you have it on.  
 20 A. Okay. Table 1 on my copy is -- is Page 4, but  
 21 that's okay.  
 22 Q. Okay. Okay. Table 1 which is entitled, "Water  
 23 Meter Readings Well G-336 Tinaja Quarry"?  
 24 A. Right.  
 25 Q. 2001 through 2016.

47

1 A. Yes.  
 2 Q. Would you just generally describe for us what  
 3 you intended to show in this table?  
 4 A. Well, I wanted to tabulate in one space, in one  
 5 common space the meter readings that were recorded in the  
 6 other documents, other exhibits that you've referred to.  
 7 And then over on the right-hand side, tried to  
 8 make some kind of sense between the acre-feet that would  
 9 be interpreted using one or another multipliers, and how  
 10 that would total up for, you know, annual water use --  
 11 average annual water use.  
 12 If you -- and I went back through and tried  
 13 several different multipliers, which is what those three  
 14 right-hand columns represent. And the only one in that  
 15 case that made any sense to me was the right-hand most,  
 16 the 1000 column figures.  
 17 Q. Okay. So if I understand this correctly, if we  
 18 look at the -- the first row in Table 1, which contains  
 19 data relating to calendar year 2001.  
 20 A. Yes.  
 21 Q. Under that second column, which is entitled,  
 22 "Start", you have the number 104680. And when we look at  
 23 Exhibit 2, which is the meter readings compiled by C & E  
 24 Concrete for that same date -- well, for January 1, 2001,  
 25 that's the meter reading: 104680; is that correct?

48

1 A. Yes.  
 2 Q. That's where that number comes from?  
 3 A. Yes.  
 4 Q. Okay. And then you look at the next column,  
 5 which is entitled, "End", which I presume means the end of  
 6 the year; is that right?  
 7 A. Well, the end of -- of that record, which  
 8 actually, the date was, I think, January 2 of the  
 9 following year. But it represents the end of the -- of  
 10 the business year, let's say.  
 11 Q. Got it. I -- I -- I agree. It's -- it's  
 12 actually, I was going to say, that would appear to be the  
 13 meter reading for January 1, 2002, which again on Page 16  
 14 of Exhibit 2 shows the meter reading as 12472. And that's  
 15 where you got that number; correct?  
 16 A. Yes.  
 17 Q. Okay. Next column on Table 1 shows the total  
 18 water pumped, I guess, for the year; is that correct?  
 19 A. According to a raw reading of the meter, yes.  
 20 Q. Okay.  
 21 A. Or of -- of the recorded record, yeah.  
 22 Q. Okay. And -- and why is -- and -- and that  
 23 number is 90 -- 92208, but the negative. Why is there a  
 24 negative sign in front of that number?  
 25 A. It's just to show the -- the numerical

49

1 difference between the start and end columns.  
 2 Q. Okay. So -- so you -- you calculate that figure  
 3 by -- how -- how do you calculate that figure?  
 4 A. So --  
 5 Q. From the start and the end numbers?  
 6 A. So -- so dropping the end number from the start  
 7 number for each year.  
 8 Q. Okay. Now the next set of columns come under  
 9 the heading, "Adjusted Readings". And I take it that  
 10 refers to the fact that you've now taken the raw data and  
 11 made adjustments to it?  
 12 A. Yes.  
 13 Q. Okay. And for 2001 under the Start column for  
 14 the adjusted readings is the number 10468000; is that  
 15 right?  
 16 A. That's right.  
 17 Q. So you've essentially taken the raw number for  
 18 January 1, 2001's meter reading, and multiplied it by 1000  
 19 to get that figure; is that correct?  
 20 A. That's correct.  
 21 Q. Okay. And then in the End column under Adjusted  
 22 Readings, you did the same to the January 1, 2002 meter  
 23 reading of 12472. You multiplied that one by 1000 as  
 24 well.  
 25 A. Well, actually, for year 2001, the start -- the

50	<p>1 adjusted start was multiplied by 100. The adjusted end 2 was multiplied by 1000.</p> <p>3 Q. Oh, okay. I see that. Thank you. And you did 4 that why?</p> <p>5 A. Well, because otherwise, the numbers really 6 didn't make sense. In other words, you should have a 7 progression, an increase, in the meter readings for the 8 year as you pump.</p> <p>9 And if you -- if you took that number down to a 10 multiplier of ten, for instance, it would be way too low. 11 It would be an amount of water not even worth pumping. 12 And so in order to make these rationally, the progression 13 in years -- year totals rational, you have to come up with 14 some kind of adjustment which puts that adjusted number 15 into a reasonable range.</p> <p>16 Q. Okay. So here's what I don't get. If you look 17 again at the meter depicted in the photograph in Exhibit 18 7, the meter for this well, G-336, and you look at that -- 19 again, forgetting the dial for a moment -- we'll talk 20 about that in a second. But the -- the -- the digital 21 display on that meter goes out to eight numbers; correct?</p> <p>22 A. Well, no, seven numbers.</p> <p>23 Q. Seven numbers. I apologize. I can't count. 24 Seven numbers. Which means if we assume for a moment that 25 there is no decimal point in that digital display, that</p>	52
51	<p>1 the maximum number of gallons that that meter can show in 2 that digital display is 9,999,999. Would you agree?</p> <p>3 A. Well, it could roll over to -- to one million 4 even. I'm not sure how they -- the meter is set up to -- 5 to maximize.</p> <p>6 Q. Okay. Well, I mean, it would exceed one 7 million. It would go up to -- it's an order of magnitude 8 of tens of -- of millions, which means, you know, the 9 meter reading would be 99999999; right? It couldn't read 10 any more than that before it would roll over.</p> <p>11 A. Well, I think it could roll over to -- to one 12 million. But I'm not acquainted with how these are 13 designed and built and what kind of maximums are -- are 14 built into them. So I think that's kind of a moot point 15 really.</p> <p>16 Q. Well, let -- let me ask you another question. 17 And -- and -- and we can kind of decide if it's moot or 18 not.</p> <p>19 The start number and the end number, in your 20 adjusted readings, for calendar year 2001, are both of an 21 order of magnitude of tens of millions. And I guess I 22 don't understand how you can reach a conclusion that the 23 well meter reading could be to that order of magnitude 24 when the meter itself can't read a number that high, as I 25 understand it.</p>	53